

J SULAN 1  
NO.65/2006 2  
3  
R V ANDRE CHAD PARENZEE 4  
5  
THURSDAY, 1 MARCH 2007 6  
7  
RESUMING 10.08 A.M. 8  
9  
MS MCDONALD RECALLS 10  
+DAVID LLEWELYN GORDON ON FORMER OATH 11  
+FURTHER EXAMINATION BY MS MCDONALD 12  
Q. Since you were last in court, you have provided a 2-page 13  
letter, or report, addressed to Mr Borick, in response 14  
for a request that you do that. 15  
A. That's correct. 16  
Q. What I would like you to do is talk us through that 17  
document, explaining in simple lay terms what it means. 18  
A. The question here was: was HIV proteins part of normal 19  
human proteins? If that was the case, you would be able 20  
to search the human genetic database and protein 21  
database and you would obviously find those proteins in 22  
it. What we have done here is to utilise a program 23  
called BLAST, which means Basic Local Alignment Search 24  
Tool. What this does is you submit a query sequence, 25  
and this can be a DNA sequence which is made up of 26  
basically four nucleotides A, C, G or T and that is 27  
basically the genetic code. Every three of those 28  
nucleotides make up a specific protein, so you can 29  
search nucleotide databases and also search protein 30  
databases, or if you have the nucleotide, you can 31  
convert the nucleotide database to a protein. You can 32  
do all sorts of comparisons with this database. Perhaps 33  
I'll give a brief analogy. It is like; if you had 34  
someone's fingerprint and you want to see if the 35  
fingerprint was the same as another that had been 36  
detected somewhere, so you would take someone's 37  
fingerprint and search it against hundreds of thousands 38



of other fingerprints until you find the correct one. 1  
There would be some fingerprints probably that would 2  
have a bit of similarity - they might have a whirl or a 3  
turn in the fingerprint at one particular point, but 4  
they may still be quite different. What we have done 5  
here is to utilise the database from the National Center 6  
for Biotechnology information which is a standard gene 7  
bank database. From that you can take out either a 8  
partial or a full-length HIV sequence and that is your 9  
search query, and then you blast it against all the 10  
genetic sequences that are out there. You could blast 11  
it against human sequence, various animal sequences or 12  
just absolutely everything that is out there. It is 13  
quite a complicated program. It will not only pick up 14  
identical sequences and say 'we have found that piece of 15  
sequence in the human database', it will also detect 16  
sequences in which there is a deletion. So there might 17  
be a bit of a sequence there but a deletion and then 18  
another bit of sequence and it will find those. It is 19  
basically a very sophisticated way of finding really 20  
anything in the genetic database that has similarity to 21  
the query sequence that you're putting in. What we 22  
first did was to take out to first access, the entire 23  
HIV sequence - 24

Q. I might get you to pause there, have you brought to 25  
court today something to assist in explaining the entire 26  
HIV sequence that you used and focused in on a 27  
particular area. 28

A. Yes, I think that is about to be tendered. 29

MS MCDONALD: I tender that. 30

MR BORICK: I have seen that, I have no objection. 31

A. This database has 9,181 nucleotides in the sequence. 32

EXHIBIT #P92 ENTIRE HIV SEQUENCE TENDERED BY MS MCDONALD. 33  
ADMITTED. 34  
35

A. In the first instance, we took the region of that 36  
sequence that encodes what is called the gag gene of the 37  
HIV virus and that includes - the reason we did that is 38



because that includes the p24 protein, along with some  
other proteins as well. That was one of the  
contentions, that the p24 protein was a protein that was  
part of normal human tissue. We then BLASTed that  
against a human genome database. This genetic sequence  
includes the entire human genome, which has now been  
well described, to see if there is any identity or  
similarity between the HIV sequence and the sequence in  
the normal human genome. It has actually been BLASTed  
against a number of genomes and partial genomes.

Q. Can you refer to 7,067.

A. Yes, partially. The result of that was that there were  
no significant areas of similarity found.

Q. Pausing there, referring back to the full genome that  
has just been tendered, P92, you said in your report  
that the particular area you focused in on was from  
nucleotide 336-1838.

A. Yes, that encodes the gag - that is the gag gene which  
encodes the p24 protein.

Q. If we look at the whole of the genome, there is a line  
commencing 301 and you have put a little marking  
underneath the letters 'ATG'; do you see that.

A. Yes. That is basically where the protein - what is  
called the protein translation begins at that site.  
Some of the genetic sequence upstream of that is  
involved in regulation of the replication, so it is  
basically the beginning of the protein, where the  
protein begins.

Q. About nucleotide 336.

A. Yes, that is about right. There was no similarity found  
between that consequence and the human genome database.  
The next thing we did was to BLAST against what is  
called the human translated database. Translation just  
means the protein sequence that is derived from a  
particular DNA sequence. What we found in that  
situation was that there were a number of proteins that  
had some similarity to the gag proteins and the most  
significant was a particular protein, which I don't know



much about, called Human Prokineticin Receptor 2. What  
this program does, is it will give you the results in  
order of significance, or in order of similarity. This  
was the one that came up as the most similar protein to  
the gag gene proteins. That had a region of similarity  
in a portion of the protein. The gag protein sequence  
we BLASTed was about 500 proteins long and there was a  
region of 156 proteins - so you start off with a big  
protein like that (INDICATES), there's a region of 156  
proteins and within that sub-region of the protein,  
there was a 35% similarity, or 35% identity, in that  
particular stretch. That means, when you were looking  
at the identity to the entire protein, it is going to be  
less than that because the identity - it is probably  
around about 11% or so, total identity of the entire  
protein. This is not particularly unexpected but this  
is still a very low level of identity. My conclusion  
from that is that HIV proteins are not part of the  
normal human genetic make-up. Basically the same thing  
with the full HIV genome, rather than just the partial  
one, but basically the results are exactly the same;  
that the DNA level, there is no similarity detected and  
if you look at the whole comparison, there was some  
relationship to some proteins called zinc finger  
proteins. The overall similarity was fairly similar to  
what we found with the partial one.

CONTINUED



Basically, the bottom line is there are small regions within proteins that have some similarity to HIV proteins, but they are clearly distinct and the maximum amount of identity is about 30% or so in small regions of the protein.

Q. Yesterday, it was suggested by my learned friend, Mr Borick, that, in your report, when you've used the word 'indicates' - I'll just take you to the last paragraph - 'This analysis indicates that there are no HIV proteins present in normal human tissue', it was suggested that the use of the word 'indicates' by you meant that that was less than certain.

A. I wouldn't interpret it as that. It is conclusive evidence.

+FURTHER CROSS-EXAMINATION BY MR BORICK

Q. Some of my questions might be a bit awkward because I'm finding this topic very difficult, but I just want to make sure I understand as best I can. In relation to your letter which was sent to me and then sent on to the others - we call it P85 - as I understand it, the work you did was in two stages. In the initial process, you were looking for one viral protein, p24.

A. It is actually a bit larger than that. It is actually what is called the gag gene. The gag gene encodes for a number of proteins, but they include p24.

Q. About 1,500 bases were used, that is the nucleotides 336 to 1838, which represents about 16% of the whole; is that correct.

A. The whole genome is about 9,100.

Q. We were working on 9,500, but roughly 16 to 20% of the whole.

A. Yes.

Q. Is it correct to say that you were only looking with regard to one viral protein, p24, that is what you were really looking for.

A. In the original letter we have compared the gag gene. The gag gene encodes for a number of proteins, including p24, but also some other ones; p16, p6, I think. There



was a focus of p24 in previous discussions. 1

Q. Your finding was that 'There is no significant 2  
similarity in a comparison of the 1,500 bases with the 3  
human genome sequences'. 4

A. That's correct. 5

Q. This shows that there is, in human genomes, no nucleic 6  
acid sequence that is the same as the viral sequence in 7  
that particular range, 336 to 1838. 8

A. Yes. 9

Q. This would mean that human genomes could not code for 10  
exactly the same protein as that found in the virus. 11

A. That's right. 12

Q. That was the first stage. 13

A. Yes. 14

Q. The second stage was comparison of the 'viral' protein 15  
amino acid sequence with human material. 16

A. Yes. 17

Q. Your finding was 'There is a number of human proteins 18  
that have some homology (similarity of sequence) with 19  
some parts of the viral protein. The most significant 20  
similarity was about 11%'. 21

A. That's right. 22

Q. Does this mean that, whilst there is no protein in 23  
normal human tissue which is exactly the same as the 24  
viral protein p24, there are some human proteins which 25  
have some degree of similarity with parts of the viral 26  
protein. 27

A. They have some similarity in terms of their protein 28  
sequence. That amount of similarity is very, very low. 29  
If you are looking at comparing closely related 30  
proteins, they may have similarities of 95 or 100%. So, 31  
in fact, having 35% similarity over a very small region 32  
of the protein is an enormous difference. The 33  
similarity between humans and primates is about 99%. We 34  
are clearly distinct from primates. 35

Q. Doesn't your finding show that there is at least some 36  
potential for cross-reaction with some human proteins 37  
with some antibodies to viral p24. 38



A. The analysis is not really looking at that, it doesn't  
really support that or refute that. It is not really  
looking at that issue.

HIS HONOUR

Q. It is an apples and oranges question.

A. As I said, similarity between two proteins of 11% is  
actually a very, very small amount of similarity. It  
would depend very much on how that particular protein is  
folded and the structure of the protein. The bottom  
line is that is an enormous difference between a  
protein.

XXN

Q. The question is - I've heard your answer: is there some  
potential for some cross-reaction with some human  
proteins with some antibodies to viral p24. That is my  
question.

A. I can't determine that from this analysis. This  
analysis is not looking at protein folding and protein  
structure and the crystal structure of protein.

Q. I want to refer you to some evidence which Professor  
French gave on this topic. I will read it to you - it  
is not long - then I would like you to comment on it.  
It is at p.808.

HIS HONOUR: You will have to read it because I  
haven't got my evidence with me. I haven't got my  
computer at the moment. If you want me to put it up, I  
will.

MR BORICK: It won't take me long.

XXN

Q. Professor French said, at line 21 on p.808, 'What we  
detect when we detect antibodies to p24 in the serum is  
what we call polyclonal antibodies and this is a mixture  
of antibodies that reacts with lots of different regions  
on the protein'. I jump a few lines to 34. 'The serum  
of people with HIV reacts with many different parts of  
the protein, not with just a small sequence or small  
part of immuno acids. It is not surprising that you see  
cross-reactivity of antibodies to parts of p24 with



other proteins. It is not surprising at all'. Going to p.809, I asked him some questions, then I said 'Breaking it down' -

OBJECTION: MS MCDONALD OBJECTS

MS MCDONALD: My learned friend has just missed out a question and answer that is fundamental to this part of Professor French's evidence which refers to the totality of the antibody response.

XXN

Q. I said 'What you are looking for is the totality, the combined effect. A. The totality of the antibody response. When we're talking about monoclonal antibodies and antibodies against small peptides, it is irrelevant to the discussion because that is not what we detect in the Western blot. We detect polyclonal antibodies to the whole protein. Q. Breaking it down for us, what is it you are detecting when you are looking for those - A. P24 antibodies? Q. Yes. A. We are looking for a mixture of antibodies to different parts of the - so, we talk about an antibody to p24 protein but that is a misrepresentation of the situation because it isn't one type of antibody, it is in fact many types of antibodies - a mixture of antibodies that is reacting with different parts of the protein, but only the protein. Each individual part is what we call an immunology epitope and each antigen on a protein can have a number of different epitopes. You are not detecting one type of antibody, you are detecting a mixture of antibodies. Arguments about the non-specificity of monoclonal antibodies are irrelevant because a monoclonal antibody reacts with only one of those hundreds of epitopes. Q. That is why the test is so specific. A. Yes.' First of all, could you comment on Professor French's description. Is there anything you disagree with, any point you want to make about it.

A. I think the point I make is antibodies recognise a shape. A protein is folded into a shape. The antibodies don't recognise necessarily the immuno acid



sequence. Basically, at the end of the day, the protein  
is folded into a complex shape, and there might be a  
little bit of the protein sticking out, or making a big  
loop, and that is what he really means when talking  
about epitopes. So, there are parts of the protein that  
are particularly recognised or important in a region to  
which antibodies develop. So, in nature, each protein  
has a unique shape. The antibody response will be  
directed against particular shapes or particular regions  
of the protein. It won't be solely recognised against,  
perhaps, one fold in the protein. There are lots of  
other folds or lots of other regions in the shape of the  
protein that determine whether that particular region of  
the protein raises an antibody or not. It will also be  
relative. There will be some regions in which the  
antibody binds strongly, other regions where the  
antibody binds weakly. If there is a very strong  
reaction, say against the envelope protein of the HIV  
virus, there will be a very strong antibody reaction  
with that particular shape. Now, there will be other  
proteins that might have shapes that are a little bit  
like that and there will be, or there is, a potential  
for a small amount of cross-reaction, if it sees a shape  
that looks similar. We know that can get  
cross-reactions between antibodies, particularly what is  
called polyclonal antibodies. The difference with the  
cross-reactions is that the reaction is usually much  
weaker, so it is an incidental sort of accidental  
cross-reaction. The immune system is really designed so  
that there is very little cross-reaction, or the  
cross-reactions that occur are very weak, because if the  
immune system couldn't do that, then we would get into  
all sorts of problems because our immune system would  
keep making antibodies against normal human tissues.  
I'm not quite sure the point you are making. This whole  
thing, I guess, relates to issues we discussed  
previously; that you can get weak reactions in the  
antibody tests.



HIS HONOUR	1
Q. That is a different question to one relating to genetic sequence.	2 3
A. Yes, it is totally different.	4
Q. We are talking about apples when we are talking about antibody tests and oranges when we are talking about the sequence of the genome.	5 6 7
A. I think so. I can't see any relationship between that issue and the analysis of the genome.	8 9
XXN	10
Q. When Professor French said 'So we talk about an antibody to p24 protein, but that is a misrepresentation of the situation because it isn't one type of antibody, it is in fact many types of antibodies, a mixture of antibodies that is reacting with different parts of the protein', do you agree with what Professor French has said there.	11 12 13 14 15 16 17
A. I don't have the full transcript and the contents. Essentially it is the same thing I was trying to say; if you have a protein, it has a particular shape and you develop antibodies against a number of regions in that protein in most cases. The effect of that on the protein will sometimes vary. There are some antibodies that will, for example, block the actual virus infection, and then there are other antibodies that will bind to a region close by, but they may not actually be able to block infection. So, we know that all proteins have a number of regions, or epitopes, to which antibodies develop to greater or lesser degrees.	18 19 20 21 22 23 24 25 26 27 28 29
Q. When Professor French says 'It is not surprising that you see cross-reactivity of antibodies to parts of p24 with other proteins, it is not surprising at all', you would agree with him.	30 31 32 33
A. The immune system is designed to be as specific as it possibly can, it doesn't want too much cross-reaction, but if you have all the proteins in the body and all the different shapes that all those different proteins make, then it certainly is possible that an antibody against a	34 35 36 37 38



particular shape will have some weak cross-reaction with  
a shape that might be quite similar in a particular  
region of that protein.

Q. I just want to be clear. When Professor French said 'It  
is not surprising that you see cross-reactivity of  
antibodies to parts of p24 with other proteins, it is  
not surprising at all', do you agree with him.

A. It is not surprising there are weak cross-reactions with  
other proteins.

NO RE-EXAMINATION

NO FURTHER QUESTIONS

WITNESS RELEASED

+THE WITNESS WITHDREW