

SULAN J	1
NO.65/2006	2
	3
R V ANDRE CHAD PARENZEE	4
	5
THURSDAY, 21 DECEMBER 2006	6
	7
RESUMING 10.15 A.M.	8
+ELENI PAPADOPULOS-ELEOPULOS CONTINUING	9
+CROSS-EXAMINATION BY MS MCDONALD	10
MS MCDONALD: Just before I get started, I can indicate	11
that the new studies which were referred to yesterday	12
were provided at about 9.30 this morning. I haven't had	13
a chance to read them. So based on that alone, I'm not	14
going to be in a position to finish my cross-examination	15
today. Having said that, I don't think I would have	16
been anyway. I just raise that so your Honour knows.	17
XXN	18
Q. I want to start off by asking you a few questions about	19
your knowledge of HIV and AIDS in the Asian Pacific	20
Region. I am talking about Africa and other countries.	21
EXHIBIT #P24 DOCUMENTS ENTITLED 'HIV/AIDS IN ASIA PACIFIC -	22
THE PROGRESSION' TENDERED BY MS MCDONALD. ADMITTED.	23
	24
Q. Looking at Exhibit P24, are you aware that the	25
Australian government every year donates hundreds of	26

millions of dollars to combat HIV and AIDS in the Asian	27
Pacific Region.	28
A. Yes.	29
Q. And that is done through AusAID.	30
A. Excuse me, that is done -	31
HIS HONOUR	32
Q. Through AusAID.	33
A. Yes. I don't know how it is given but I know that it is	34
given.	35
XXN	36
Q. Do you agree that there is a belief in the scientific	37
community that HIV, or the HIV epidemic, is expanding	38
.SMR...00601	383
E. PAPADOPULOS-ELEOPULOS XXN	

faster in East Asia than anywhere else in the world. 1

A. That's what I hear in the press but I can't see any 2
evidence, scientific evidence. It is not here. For 3
example, here is a draft which says 'HIV/AIDS in Asia 4
Pacific, Papua New Guinea'. 5

HIS HONOUR: That's the heading of the page. 6

HIS HONOUR 7

Q. Did you want to make any point about that page. 8

A. Yes, I will, because it is on very different ground. It 9
shows something. It says it is AIDS in Asia Pacific. 10
Now, HIV is not the same thing as AIDS. You can't 11
combine the two. To say HIV/AIDS, HIV evidence you have 12
to have is that HIV is the cause of AIDS. HIV is a 13
virus and AIDS is a syndrome of diseases. So you just 14
cannot speak in one breath like them being the same 15
thing. You cannot do that. 16

Q. Unless you have reached the conclusion that someone 17
suffering from AIDS, or someone who has the symptoms of 18
AIDS, obtained those symptoms or those symptoms were 19
caused by an HIV virus. If you make that assumption. 20

A. Yes, your Honour, that's what I said. 21

Q. Then you can talk about HIV/AIDS. 22

A. Only then you can. That's what I stated. You cannot 23
talk about HIV and AIDS unless what you said, your 24
Honour, unless you first have the evidence that HIV - 25
not just evidence, proof beyond reasonable doubt that 26

HIV is the cause of AIDS. Then you can use that 27
altogether, otherwise the two cannot be interchanged. 28
XXN 29
Q. I want to ask you about that document for the moment. 30
A. Yes. 31
Q. I want to ask you now about an English court case that 32
you referred to - 33
A. Yes. 34
Q. - during your evidence yesterday. 35
A. Yes. 36
Q. Just remind us about what your understanding is as to 37
what that court case stands for or says. 38

A. Well, that court case, as far as I know, was about a gay man who was accused of transmitting HIV to another gay man. That's what the case is. That's my understanding.

Q. Yes, but yesterday in your evidence you said it stood for a particular proposition about the science in relation to HIV in AIDS.

A. I didn't say about the science. I'm sorry, I didn't say about the science.

MR BORICK: My friend has a copy. It is not a judgment. What it is, it is a direction to the jury in the case that I have referred to. What we haven't got yet is the actual evidence that the judge is referring to in his direction. So for what it is worth, if my friend wants your Honour to have it so that you can see what they are talking about -

MS MCDONALD: Yes, I propose to tender it.

MR BORICK: - I have a copy. Bear in mind its limitations. The judge is talking to a jury and he is talking about people whom we don't know.

EXHIBIT #P25 DOCUMENT ENTITLED 'IN THE CROWN COURT AT KINGSTON ON THAMES' DATED 9/8/2006, A DIRECTION TO THE JURY BY JUDGE BINNING IN THE MATTER OF R V COLLINS, TENDERED BY MS MCDONALD. ADMITTED.

XXN

Q. Do you have a copy of that document with you.

A. Yes, I do. What I said there, I said on the basis of 27
scientific evidence by HIV experts, the accused was 28
found not guilty. That's where the science comes. 29

Q. Isn't it the case that what is apparent from what the 30
judge said to the jury in this case is that the issue 31
there wasn't one of science but whether or not the 32
prosecution had proved that a particular person was HIV 33
negative at a particular point in time. 34

A. No. No, it was - the case was about a gay man 35
transmitting HIV to another gay man and that is all it 36
is about. A gay man was accused of transmitting HIV to 37
another gay man, and on the basis of the relatedness of 38

the two viruses, or the court believed that there were	1
two viruses, the man was - maybe I shall give you - here	2
it is.	3
MR BORICK: I think we have a bit of confusion here.	4
I don't think the witness has got the actual direction	5
that you have got.	6
HIS HONOUR	7
Q. Have you got this document, which is the actual	8
direction.	9
A. No.	10
MR BORICK: What she has got is what has already been	11
referred to, which is a summary by the prosecution	12
scientist of her evidence. It looks as though she has	13
some sort of PowerPoint demonstration. We haven't been	14
able to get that from the English court as yet. That is	15
because it is Christmas and they are busy and they have	16
got so many other things to do. I'm sorry, we would	17
love to get our hands on it, but we haven't got it yet.	18
XXN	19
Q. You see, this is what you said about this case in	20
evidence yesterday. I asked you this question at 229:	21
'So you would accept on the basis of that testing, it	22
would appear that one sister has given the virus to the	23
other' and I was asking you about that Russian sailor	24
case at that stage.	25
A. That is a totally different case. This has nothing to	26

do - 27

HIS HONOUR: Just listen to the question. 28

XXN 29

Q. I'm just putting it into context for you and reminding 30
you of where this evidence came up yesterday. Your 31
answer was this: 'No, that's what they claim but that is 32
not proof and that is not only what I say. May I remind 33
you about a court case which just took place in London 34
not long ago in which a gay man was accused to have 35
transmitted a virus to another gay man, and because what 36
they have done through genetic analysis, that the virus 37
is from what is called virus from two people was found 38

to be related, the accused gay man was advised to plead 1
guilty but then he changed his legal team and ultimately 2
he was found not guilty, and this was because an HIV 3
expert, an expert on the so-called HIV genome and 4
through genetic analysis from London, gave court 5
evidence and it was accepted that you cannot prove 6
transmission with this kind of profiling or this kind of 7
testing and here it is. She had a PowerPoint 8
presentation'. Do you agree, firstly, that that was the 9
answer you gave. 10

A. Yes, I agree. 11

Q. On the document that you have produced to us that can't 12
be support, I suggest. The issue there was whether or 13
not it could be proved beyond reasonable doubt that at a 14
particular point in time the accused person was HIV 15
negative. 16

A. No. No, it is not that. There is no way that - nowhere 17
here, the court was not to place - to show that one man 18
was negative while the other was positive. The court 19
was - one man was accused of transmitting the virus to 20
another man. That's what it was all about. It is not 21
that one man was negative and the other was positive. 22

MR BORICK: I think it would assist my friend if she 23
had the document that the witness has got but she will 24
need some time to understand it. It is headed 'The use 25
of virological evidence, pitfalls and acceptable 26

standards' and then the author of this talks about 27
matters such as following the genetic tree and 28
bootstrapping. They are difficult concepts to get your 29
head around quickly. I am not objecting. I'm simply 30
suggesting my friend gets this before she embarks on 31
this cross-examination. 32

HIS HONOUR: You are being assisted. 33

MS MCDONALD: It am being assisted. It would have been 34
of assistance if I got it overnight when I requested. 35

MR BORICK: I didn't know. 36

HIS HONOUR: If you could make that available, 37
Mr Borick. 38

XXN	1
Q. I will move on to another topic. I want to ask you some	2
questions about your presentation in relation to the	3
issue of sexual transmission. Looking at Exhibit A8,	4
the print-out of the PowerPoint presentation, just	5
before we go to that I just want to ask you a general	6
question. A number of times during the course of your	7
evidence you talked about the need for there to be	8
scientific evidence of various things before they are	9
proved. What do you mean by the term 'scientific	10
evidence'.	11
A. I mean scientific studies where comes evidence which	12
proves that claim, whatever it is. Like if it is sexual	13
transmission, scientific evidence that is standard - if	14
it is for sexual transmission, you have to have studies	15
which proves sexual transmission of a virus from one	16
person to another by sexual conduct.	17
Q. But isn't it the case that when you are giving your	18
evidence about sexual transmission, and there are	19
examples in the studies that you referred to that were	20
said to be evidence of sexual transmission - your	21
response was regularly people lie - there could be other	22
explanations despite what appears on the face of the	23
statement.	24
A. The people lie, it was in relation to giving census	25
study. It was not my accusation, it was Dr Bodick who	26

said that, who said that Dr de Vincenzi agreed that 27
whole study does not prove sexual transmission. The 28
evidence in whole study does not prove the sexual 29
transmission but since heterosexual transmission is 30
everywhere else. That's what you have to accept. 31

Q. Could you just turn to your PowerPoint in A8. The first 32
few slides are general summaries prepared by you so I 33
won't take you through those for the moment. What I 34
want to do is to turn and look at some of the particular 35
studies which you say are support for your evidence that 36
HIV has not been proved to be heterosexual 37
transmissions. Just so this is clear, is it your 38

evidence that it can be heterosexually transmitted via	1
anal intercourse.	2
A. No. What I said is a positive antibody test can be	3
acquired by practicing passive anal intercourse, and	4
that means not only by gay men because there are more	5
heterosexuals who practice anal intercourse in number	6
than gay men. So a positive antibody test, not HIV.	7
There is a difference between, as far as I am concerned,	8
a positive antibody test and HIV and we do agree that	9
the practice of passive anal intercourse, as Gallo has	10
shown, leads to the acquisition of a positive test.	11
Q. Just so we are clear about this, is that passive anal	12
intercourse both between two men and a man and woman.	13
A. Yes.	14
Q. And you say that you accept that that can lead to a	15
positive antibody test.	16
A. Yes.	17
Q. What do you say causes the antibody test to react	18
positively as a result of anal sex.	19
A. Well, the semen is full of proteins, antigens, what are	20
called the antigens, and in the passage from the vagina	21
to the gut they can be absorbed into the bloodstream.	22
That is like injecting foreign proteins in the body,	23
especially if the gut is traumatised by some way or the	24
other, then the passage will be more easy, and every	25
time that you subject a person to foreign antigens, that	26

person will make antibodies, and in the kits, in the 27
antibody test kits, the proteins, there is all the 28
evidence that the proteins are similar cellular proteins 29
and the antigens are from foreign cells. Then one would 30
expect that any foreign antigens which comes into the 31
body, especially cellular antigens, no matter how they 32
come, they are injected or they come via sexual 33
intercourse to the gut, then you will develop antibodies 34
and these antibodies will react with the proteins which 35
are in the test kits. 36

37

38

HIS HONOUR	1
Q. I just want to make it absolutely clear that I	2
understand what you're saying. That you can have a	3
perfectly healthy male suffering from no diseases or	4
viruses, perfectly healthy, who has anal intercourse	5
with either a man or a woman and ejaculates.	6
A. Yes your Honour.	7
Q. And you say that as a consequence of that antibodies	8
will be created.	9
A. Yes.	10
Q. Because of the foreign proteins which are contained in	11
the semen.	12
A. Yes your Honour.	13
Q. And those antibodies will react to the HIV test.	14
A. Yes your Honour.	15
Q. And give a positive reading.	16
A. Yes, the more frequent - it is logic that the more	17
frequent the intercourse is, and the more traumatised	18
the gut is, the more foreign antigens you have going	19
into the body.	20
Q. And the more - the higher risk of antibodies being	21
formed.	22
A. Being formed, Yes your Honour.	23
XXN	24
Q. Do you say that those antibodies can then lead on to	25
someone developing AIDS.	26

A. No antibody leads to the development of AIDS or any	27
other disease -	28
Q. Do you - sorry.	29
A. No they don't.	30
HIS HONOUR	31
Q. Someone who creates antibodies which reacts to the HIV	32
tests is not really - may not be ill. If you are	33
creating antibodies are you ill? Is there anything	34
wrong with you.	35
A. Sorry?	36
Q. When you create antibodies.	37
A. Yes.	38

Q. Does that mean you are suffering from an illness.	1
A. No, no it doesn't mean you suffer from illness.	2
Q. So you can be perfectly healthy.	3
A. You can be perfectly healthy.	4
Q. You create the antibodies, you will test positive. And	5
so somebody who - I just want to understand your	6
evidence - so someone who is having anal intercourse, a	7
recipient of semen -	8
A. Yes.	9
Q. - who creates antibodies, who reacts to the HIV test	10
kit.	11
A. Yes your Honour.	12
Q. If they are at some stage diagnosed as suffering from	13
tuberculosis.	14
A. Yes your Honour.	15
Q. Your position is that there is no evidence which	16
connects the creation of the antibodies which react to	17
the HIV test kit, with the tuberculosis that that	18
person's suffering.	19
A. No, there is no evidence. What we are saying is that in	20
the risk groups, in the risk groups - and we are not	21
against doing the antibody test, we are against the	22
interpretation of the antibody test; we are saying, yes,	23
do the antibody test - in the risk groups indicate a	24
propensity for the development of an illness -	25
Q. Of some disease.	26

A.	Of some disease, some time after.	27
Q.	That's got nothing to do with HIV.	28
A.	No, that's true.	29
Q.	It's got nothing to do with it being sexually	30
	transmissible.	31
A.	Yes your Honour.	32
Q.	Because your risk is just as high with a person who has	33
	no - who doesn't react to antibodies, as to someone who	34
	does; if they are the donor in other words.	35
A.	The person doesn't die because of the antibodies.	36
Q.	No. Let me ask you this question, just to understand:	37
	if, for example, you have a person who is a homosexual	38

and who has been a recipient of semen, through his anus,	1
right, and he is tested and he has antibodies -	2
A. Yes.	3
Q. - that person then has anal intercourse with a third	4
person.	5
A. Yes.	6
Q. He being the person who then is the donor of the semen,	7
to the third person.	8
A. Yes.	9
Q. And that third person tests positive to antibodies.	10
A. Yes.	11
Q. That third person has not tested positive to the	12
antibodies because the person who donated the semen	13
himself had tested positive for antibodies. But he has	14
tested positive to antibodies because of the receipt of	15
foreign semen material, which could have been received	16
from a healthy person without any antibodies.	17
A. Said much better than me.	18
Q. And you can't, you say scientifically, make the	19
connection, is that -	20
A. Yes your Honour. As I said, I'm very - that's why I'm	21
nervous because I cannot put my ideas through, but you	22
did much better than me.	23
Q. I'm just trying to understand, if I understand clearly	24
what your evidence is.	25
A. Yes your Honour.	26

XXN	27
Q. Is it your evidence that there is no link between anal	28
sexual intercourse and a person developing AIDS-related	29
illness.	30
A. As I said, we aren't the ones who are saying that. We	31
are saying if you practice passive anal intercourse - as	32
I say it has been proven by Gallo in 1984/1986 - if you	33
practice passive anal intercourse, and it doesn't have	34
to be by a gay man, it can be by woman. If a woman	35
practices anal intercourse, and this can be shown by	36
Padian and de Vincenzi. If you practice, I repeat,	37
passive anal intercourse then you develop AIDS - develop	38

antibodies. And these antibodies in the risk groups, in	1
the AIDS risk groups, the indicator that sooner, maybe	2
never, but the risk, there are indication of a risk	3
sometime in the future to develop an illness. It may be	4
an illness which is considered to be AIDS indicative, or	5
maybe another illness. And at the same time your	6
Honour, I forgot to mention that, this is linked to a	7
decrease in T4 cell as well.	8
Q. You just said it may relate to an AIDS-related illness	9
or another illness.	10
A. Yes, could be another illness.	11
Q. What other illnesses could these antibodies cause.	12
A. Many illnesses cause antibodies, you can find antibodies	13
through many illnesses. Including autoimmune diseases,	14
that is diseases which will develop as a reaction of	15
something being wrong in our body. When we are sick,	16
like rheumatoid arthritis, you develop antibodies. The	17
antibodies are the result, they are autoantibodies, they	18
are against antibodies, against your other constituents.	19
Q. Is your evidence then that the -	20
HIS HONOUR	21
Q. Do you have some water with you, would you like some	22
water.	23
A. Yes please. Thank you.	24
XXN	25
Q. Your evidence is then, that the antibodies that you	26

might receive, as a result of being the recipient of	27
some semen from anal intercourse, can lead to you	28
getting AIDS-related illnesses or other sorts of	29
illnesses, correct.	30
A. The antibodies you don't, you receive the antigens and	31
you develop antibodies, and the antibodies are indicia	32
in the AIDS risk groups for a future development or then	33
if the person is - if the antibodies is done to the	34
person who is sick.	35
Q. Is one of those illnesses that you say you might get as	36
a result of this process rheumatoid arthritis.	37
A. No, no, no, no.	38

Q.	I asked you for some examples of what other illnesses,	1
	other than AIDS-related illnesses, you say that someone	2
	can get as a result of this process. What other	3
	illnesses; you tell us.	4
A.	Well, AIDS - it could become a Kaposi's sarcoma for	5
	example. Kaposi's sarcoma, everybody agrees now,	6
	including Gallo, that is not caused by HIV, yet you get	7
	Kaposi's sarcoma.	8
Q.	What other AIDS-related illnesses might you get through	9
	this.	10
A.	You get all the, all non-AIDS illnesses.	11
	HIS HONOUR	12
Q.	I think Ms McDonald is asking you what non-AIDS	13
	illnesses do you say.	14
A.	People suffer from many diseases.	15
Q.	I don't know what AIDS and non-AIDS illnesses are, you	16
	might assist me.	17
A.	When we started, as I said, when AIDS came to be, there	18
	were only about three/four diseases which are considered	19
	to be AIDS. And the main ones were Kaposi's sarcoma and	20
	PCP, which is a lung disease. About 1985 the gay men, I	21
	might say, already knew there is something wrong. They	22
	worked it out before any scientist did, what is going	23
	on, and they took medicine. And the diseases start to	24
	decrease. And by 1987 we have increase enormously the	25
	AIDS numbers by just re-defining what we mean by AIDS.	26

And then they put many other diseases as indicating 27
AIDS. Just before 1990s the numbers of AIDS cases start 28
to decline again. So AIDS was again re-defined. And in 29
fact, without definition you didn't even have to have an 30
illness, if you just had a low T4 cell - there are 31
certain diseases sorry, by 1993 we had certain diseases, 32
as indicating AIDS, and then we do not have to have any 33
diseases. If you had low TC4 and you're HIV positive, 34
that is if you had a positive test or antibody test, 35
what is called HIV test, then you had AIDS. So we now 36
have a limited number of AIDS if you want, even if you 37
don't have illness. S stands for syndrome and syndrome 38

means a disease. But yet you can have AIDS even if you	1
don't have a disease, you just have low T4 cells and a	2
positive test. So nearly everything is included.	3
XXN	4
Q. You just told us that you can be diagnosed as having	5
AIDS with no illness, just based on the low CD4 count.	6
A. That is the centre for the risk control is American.	7
Q. That's not the case in Australia is it though.	8
A. It may not be here. In Europe, definitely in Europe	9
they refuse.	10
Q. Well, we are here in Australia now. What is the	11
definition of AIDS in Australia.	12
A. You have to have 30 diseases; one of the 30 diseases.	13
Q. Thank you. Why did you tell us about -	14
A. May I - sorry, sorry. May I? Let me clarify because	15
you introduce this point and how diagnosed AIDS here.	16
Now in Africa, you don't have even to have neither	17
disease, no T4 count's tested. No HIV tested. In	18
Africa you can be said to have AIDS just by having a	19
combination of signs and symptoms; like diarrhoea, like	20
weight loss, fever. And if you combine this, if a	21
person has more than one of these two/three, there are	22
some combination, you have AIDS. So it is if you have	23
TB. So in Africa you can have an unlimited number of	24
AIDS.	25
HIS HONOUR	26

Q. Even without a positive test for HIV.	27
A. Even without a positive test.	28
Q. If someone has tuberculosis in Africa you say they have been diagnosed.	29 30
A. They have weight loss -	31
Q. They have got to have other symptoms.	32
A. The symptoms, the symptoms, this is the definition for AIDS in Africa.	33 34
XXN	35
Q. Why did you tell his Honour that you can be diagnosed as having AIDS with no illness when you knew that wasn't the case in Australia.	36 37 38

A.	But that was the case everywhere else. I should have	1
	pointed out that in Australia, and in Europe this is not	2
	the case.	3
Q.	Let's move on to your PowerPoint presentation. I want	4
	to move ahead to slides 9, 10, 11, 12, and then 13 and	5
	14. These are the slides headed 'Gay Men'.	6
A.	Sorry, I don't think I have it.	7
	HIS HONOUR	8
Q.	This is A8. You've got it, have you.	9
A.	Yes, that's it.	10
	XXN	11
Q.	Do you have that in front of you.	12
A.	Yes thanks.	13
Q.	Now those slides relate to studies done in relation to	14
	gay men.	15
A.	Yes.	16
Q.	I notice, just dealing with 9, 10, 11 and 12 for a	17
	moment.	18
A.	10, 11 and 12. Yes.	19
Q.	That all the studies that you seemed to have relied on	20
	there are studies from very early on in the AIDS	21
	epidemic.	22
A.	Yes.	23
Q.	'82 -	24
A.	Hang on, sorry, you say they all the studies?	25
Q.	I'm sorry?	26

A. I didn't hear you, you said 10, 11 and 12 were all	27
studies or - what was the comment?	28
HIS HONOUR	29
Q. The question is that the material, the studies which	30
underlie the slides -	31
A. 10, 11, 12.	32
Q. 8, 9, 10, 11 and 12, are all studies which were made	33
relatively early in the discussion or discovery of HIV.	34
A. Yes, that's true.	35
XXN	36
Q. Before I go on to ask some further questions about those	37
slides I want to go back to what I was asking you about	38

a moment ago, before we go too far and you forget your	1
evidence. This is about how I said HIV is defined in	2
different areas of the world, I want to go back to that	3
topic.	4
A. Yes.	5
Q. You just told us in Africa if you have TB and some	6
weight loss -	7
A. I had said a few signs and symptoms, which include	8
things such as weight loss, fever, night sweats.	9
Q. TB.	10
A. Sorry?	11
Q. TB.	12
A. TB is not a sign or symptom, TB is a disease.	13
Q. You say in Africa you can be diagnosed as having AIDS	14
just on that basis.	15
A. Yes, that is the Bangui definition of AIDS.	16
CONTINUED	17
	18
	19
	20
	21
	22
	23
	24
	25
	26

27
28
29
30
31
32
33
34
35
36
37
38

.DBL...00602

397

E. PAPADOPULOS-ELEOPULOS XXN

Q.	I suggest to you that is just not true. In Africa, like	1
	Australia, there needs to be a positive anti-body test,	2
	the presence of an antibody before someone is diagnosed.	3
A.	This is the definition, it is not my definition. It is	4
	a Bangui definition and is available everywhere and has	5
	not been changed and this would have a very big	6
	discussion on this and at a meeting with the president	7
	Umbecki it is composed of both dissidence and HIV	8
	protagonist.	9
	HIS HONOUR	10
Q.	I want to get it clear: do you say that the Bangui	11
	definition of AIDS is the officially applied definition	12
	for AIDS right throughout Africa.	13
A.	That is the official. Now some people may do other body	14
	cells. They may do T4 cells, depends on the	15
	institution, but the Bangui definition of AIDS in Africa	16
	does not require it.	17
Q.	Right throughout Africa.	18
A.	This is for Africa, the Bangui definition is for Africa.	19
	XXN	20
Q.	I go back to the slides now. Slides 9, 10, 11 and 12.	21
	I was putting to you that all of those studies that you	22
	referred to in those slides often vary early on in the	23
	AIDS epidemic.	24
HIS HONOUR:	I think she has accepted that.	25
MR BORICK:	I begin an explanation: when your Honour	26

put the question you used 'the HIV existence'. My	27
friend's question is 'early on in the AIDS epidemic' and	28
they are two different things.	29
HIS HONOUR: Well perhaps you'd better put your	30
question.	31
A. Yes they are all papers, they are all papers. I read	32
all the papers.	33
XXN	34
Q. The slide No.9 is from a study in 1982.	35
A. Yes.	36
Q. No.10 is from '84.	37
A. Yes.	38

Q. And 11 is from '84.	1
A. Yes.	2
Q. And 12 is from 1986.	3
A. Yes.	4
Q. And when do you understand that it is believed that the AIDS epidemic began.	5 6
A. Yes, they are all papers.	7
HIS HONOUR	8
Q. The question is: when do you say the AIDS so-called epidemic began.	9 10
A. Officially in 1981, but AIDS, the test for HIV - because this depends on HIV as well, the Gallo papers are based on HIV, the test was introduced in '94, '95.	11 12 13
Q. 1994.	14
A. 1984, 1985.	15
XXN	16
Q. Is it the case that since that time there have been many, many studies about the sexual transmission of HIV between gay men.	17 18 19
A. Yes.	20
Q. Is there any reason why it didn't include any more recent studies -	21 22
A. Yes, it did.	23
Q. The most recent one is 1994, it is 12 years ago now.	24
A. Does it mean, do you imply that because these studies are old they are not good any more? Can you say that	25 26

Einstein, theory of relativity is not valid any more 27
because it was announced in 1905? I don't think so. Or 28
are Newton's laws - to take just some examples of 29
physics, no, or because, you know, all people - not all 30
people - for example when Einstein left Germany, many 31
German physicists wrote that Einstein, science, Jewish 32
science is not valid, it has to be unavailable. It was 33
unanimous more or less acceptance about the physicists 34
in Germany is not good - no sorry I accept. This study 35
- there has been no better study than the Gallo study 36
regarding the acquisition of a positive test in gay men. 37
All the other studies have come with the same 38

conclusion. The reference 1994 is a reference - the	1
reference 1994 -	2
Q. Please keep going.	3
A. The reference 1994 -	4
HIS HONOUR	5
Q. I'm the one who is supposed to be listening, you give	6
your evidence. You need not talk to me.	7
A. I will talk to your Honour. The reference - but when	8
they put the question, I seem to address -	9
Q. If they go off and read something else, don't worry	10
about them, you keep answering.	11
A. The reference ''94' is a reference which examined about	12
25 studies in gay men, and every single study shows	13
exactly what Gallo has shown in 1984. In fact, I think	14
we put there - we caught what the people, the authors	15
there concluded and it was exactly what Gallo concluded.	16
It is 90, 80 papers.	17
XXN	18
Q. If we go to one of these slides then. Slide 11, and the	19
study that you cite there, the Goedert - is that how you	20
pronounce it, Goedert.	21
A. Yes, 11.	22
Q. You have relied on a particular study there.	23
A. Yes.	24
Q. Let me remind you what you said about that slide in your	25
evidence and then we will go to the study and see how it	26

compares; p.146, line 19. 'Slide 11: once the age of 27
the anti-body test was developed, Gallo was the first to 28
report on the relationship between sexual activity and 29
the positive anti-body test which he interpreted as 30
proof for HIV infection. I again quote the paper 31
published in 1984 of eight different sex acts. 32
Seropositivity correlated only with receptive anal 33
intercourse and with manual stimulation of the subject's 34
rectum, that is rectal trauma and was inversely 35
correlated with insertive anal intercourse'. Do you 36
agree that was the answer that you gave. 37
A. Yes, that is the slide and that is a quote. 38

Q. What were you trying to convey to us in putting that 1
quote in that slide. What were you trying to say that 2
that quote meant. 3

A. It is exactly what it says there. It is very clear. I 4
cannot make any other interpretation than what is there. 5

Q. Are you saying to the court there, with that slide, that 6
a person only becomes seropositive if they are the 7
receptive partner in anal intercourse. 8

A. That's what it says, I don't have to interpret it. If I 9
say anything else, I will misinterpret it. 10

Q. You are saying that study stands - 11

A. That is what it says. 12

Q. The proposition is that, really, the only way that you 13
can become seropositive, through sexual intercourse, is 14
to be the recipient in anal intercourse. 15

A. That is Gallo and that is what is there. 16

Q. Let's go to the study. This could be shown at this 17
document and I tender it. 18

EXHIBIT #P26 DOCUMENT TITLED DETERMINANT OF RETROVIRUS 19
HTLV-(III) ANTI-BODY AND IMMUNODEFICIENCY CONDITIONS IN 20
HOMOSEXUAL MEN PUBLISHED IN THE LANCET DATED 29/09/1984 21
TENDERED BY MS MCDONALD. ADMITTED. 22
23

Q. That is the study that you cited from. 24

A. Yes, that is cited. 25

Q. In slide 11. 26

A. Yes.	27
Q. At the beginning of that study there is a summary -	28
A. Yes.	29
Q. - of what occurred.	30
A. Yes sorry.	31
Q. It commences: 'A cohort of homosexual men at high risk	32
of the required immunodeficiency syndrome (A) was	33
monitored to examine the relation between lifestyle,	34
clinical conditions. It cites sub sets and anti-body to	35
the AIDS associated human retrovirus, human T with	36
leukaemia virus, (III) and HTLV-(III)'. That's how the	37
summary commences.	38

A. Yes, I'm following.	1
Q. It goes on to describe the sample and the antibody's presence.	2
	3
A. Yes.	4
Q. And I take you to the bottom of that summary.	5
A. Yes.	6
Q. There is something of a conclusion to the summary, if you like.	7
	8
A. Yes.	9
Q. In that it says 'In both univariant and multivariant analysis, the lifestyle risk factors for HTLV-(III) seropositivity were large number of homosexual partners and receptive anal intercourse with an apparent synergistic interaction between those two activities'. I have left out the figures. 'These data suggests that frequent receptive anal intercourse with many homosexual partners predisposes to the HTLV-(III) infection with the consequent emergence of lymphadenopathy and the various manifestations of lesser and fully fledged AIDS'. Do you agree that's what it says at the end of the summary.	10
	11
	12
	13
	14
	15
	16
	17
	18
	19
	20
	21
A. Yes.	22
Q. It doesn't suggest there that the only way that you can become seropositive is through receptive anal intercourse, does it.	23
	24
	25
A. It says 'passive anal intercourse'.	26

Q. That passage simply suggests that being the recipient in anal intercourse puts you in a high risk category.

A. That is what was said. That's what I say, the anti-body test, the more sexual passive - the higher the frequency of passive anal intercourse, the higher probability of getting a positive test and rectal trauma also, so it doesn't say nothing different.

HIS HONOUR

Q. Do you go as far as to say it is the only way.

A. No. When it comes to sex?

Q. Yes.

A. Yes because Gallo says inversely related so it means the

more active.	1
Q. But do you say that it is not possible, it does not	2
occur in vaginal sex.	3
A. No, there is no evidence for that, no.	4
Q. No, I understand that, I want to understand your	5
position.	6
A. Yes, no.	7
Q. As I understand it, you say that the more often you have	8
anal intercourse as a recipient and the more partners	9
you have, the more likely you will develop anti-bodies	10
and this study supports that.	11
A. That is Gallo study. That was the - they must have some	12
of the best study conducted.	13
XXN	14
Q. You accept, between saying that the only sexual act that	15
will cause you to become seropositive is being the	16
recipient in anal intercourse and saying that you are in	17
a high risk group. Do you accept there are two	18
propositions.	19
A. I don't understand what the question is.	20
HIS HONOUR	21
Q. The question is: do you accept the proposition - there	22
are two different propositions; one, is the only way	23
that you can become seropositive is by anal intercourse,	24
that's one proposition.	25
A. Yes.	26

Q. And there is a much higher risk of becoming seropositive 27
if you engage in passive anal intercourse, that's the 28
second proposition. 29
A. Yes. 30
Q. The question is: do you accept that there is a 31
difference between those two propositions. 32
A. No. I think the second proposition has not been proven. 33
That is, there is no proof that other sexual acts can 34
lead to a positive test. 35
Q. I think we might be at cross-purposes. 36
A. Sorry. 37
Q. There are two propositions. This is just a 38

straightforward question, don't relate it back to what	1
you said earlier. There are two propositions. The	2
first proposition is that the only way in which you will	3
develop anti-bodies is by - and please correct me if I	4
am wrong - is being a recipient of anal sex.	5
A. Yes.	6
Q. That's the first proposition, that's the only way that	7
you develop anti-bodies or the only way that you will	8
become seropositive.	9
A. Yes.	10
Q. The second proposition is that you are at a greater risk	11
of becoming seropositive if you engage in passive anal	12
sex and you extend that by saying 'but that is not the	13
only way'.	14
A. Which the other way?	15
Q. Well, no, the first question.	16
A. I accept the first, yes.	17
Q. Do you accept that they are different propositions.	18
A. I accept they are different propositions, I do accept	19
that.	20
Q. That's all the question addresses.	21
A. Sorry, yes I accept.	22
XXN	23
Q. I suggest that this example, this study supports that	24
second proposition but not the first one.	25
HIS HONOUR	26

Q. In other words -	27
A. No, I think this study supports - as the quote says	28
there, Gallo says, Gallo says - and we are not	29
misquoting of AIDS, different sex acts, seropositivity	30
correlated only -	31
MR BORICK: What page are you referring from?	32
HIS HONOUR: Slide No. -	33
A. 11.	34
XXN	35
A. Gallo says 'Of different sex acts, seropositivity	36
correlated only with receptive anal intercourse'. 'Only	37
with receptive anal intercourse'. I agree with Gallo.	38

HIS HONOUR	1
Q. That's what his study revealed, but do you say that you	2
can draw a conclusion from that that that is the only	3
way in which you can develop seropositivity.	4
A. Yes, that's what Gallo said in 1986, and that's what all	5
the prospective studies, which were analysed by Bochera,	6
have shown, so that's the evidence.	7
Q. Do you say that that is the only way in which you can	8
become seropositive; by anal sex.	9
A. By sexual means.	10
Q. By sexual means.	11
A. Yes, but when it comes to sexual acts, I agree with	12
these findings.	13
XXN	14
Q. Let's go to the context in which that passage appears.	15
Can I take you to the second page of the study, headed	16
'712'. This forms part of what's been described as the	17
introduction. We have reported that 'An AIDS-like	18
deficit of helper T-lymphocytes was associated with	19
frequent homosexual contacts amongst homosexual men in	20
New York city; a group at high risk of AIDS. Receptive	21
anal intercourse was the specific sexual activity which	22
correlated most strongly with reduced levels of helper	23
T-cells. This data, in conjunction with other	24
observations, suggests that a transmissible agent is the	25
cause of AIDS'. Do you agree that's what it says.	26

A. Yes.	27
Q. Do you agree that what it says is that receptive anal	28
intercourse correlated most strongly -	29
A. Specifically correlated.	30
Q. Was the specific sexual activity which correlated - and	31
I'm emphasising the word 'most' - strongly with reduced	32
levels of helper T-cells.	33
A. Yes.	34
Q. They are not there saying that is the only act, they are	35
saying there is the strongest correlation where you have	36
anal intercourse, aren't they.	37
A. No, they are saying for T4s, that this relates to T4s.	38

It doesn't relate to positive test. Yes, there are many other means which should -

Q. Section 3, the heading 'Determinants of HTLV3 Antibodies', second column, halfway down. Do you see that.

A. Yes.

Q. So we are clear, when they are talking about HTLV3 antibodies, we are talking about what we now call HIV antibodies; is that right.

A. Sorry, you said second paragraph. Is that the section we are talking about?

Q. Yes, the question was simply HTLV3, what they are actually talking about is what's now called HIV antibodies.

A. Yes.

Q. One and the same thing; that's the old name for what's now called HIV.

A. Yes.

HIS HONOUR

Q. You have to assume that I know nothing, so you've got to go back to basics - that's what the question was all about - just to get the terminology correct so that there can be no debate later on that when you were talking about HTLV, and they are talking about HIV, that you are talking about different things.

A. Sorry, I did specify I know zero about legal terms so

please correct me.	27
XXN	28
Q. When this test was conducted, HIV hadn't even started to	29
be called HIV yet.	30
A. Yes, in fact, it was called the leukaemia virus.	31
Q. I want to take you to a paragraph under that heading	32
'Determinants of HTLV', the second paragraph commencing	33
'None of the eight subjects with fewer than 10	34
homosexual partners during the year preceding the study	35
were seropositive'. Then it refers to table 2. 'More	36
than half of the subjects with 10 to 50 partners had	37
HTLV3 antibodies, while more than 70% with greater than	38

50 partners were seropositive. A similar relation was	1
noted between HTLV3 seropositivity and the number of	2
receptive anal intercourse acts'. Do you agree that's	3
what it says so far.	4
A. Yes.	5
Q. It goes on to say 'The proportion of seropositive men	6
increased from 29% amongst subjects reporting no	7
receptive anal intercourse, to 74% with more than 20	8
such acts', and gives a figure. 'Study subjects who are	9
very frequently the insertive partner in anal	10
intercourse were less often seropositive, (39%), than	11
those who were seldom (62% seropositive), or	12
occasionally (65% seropositive) the insertive partners	13
in anal intercourse'. Do you agree that's what it says.	14
A. Yes.	15
Q. Isn't what that is saying not that the only way you can	16
become seropositive is that you are the receptive	17
partner in anal intercourse, but that it makes it more	18
likely if you engage in anal intercourse that you will	19
be seropositive.	20
A. Yes, but when he summarised his findings, when he did	21
the actual statistics, and including other things, they	22
came to the conclusion that it was only passive anal	23
intercourse that seropositivity - when they did the	24
statistics, they found seropositivity was directly	25
related to passive anal intercourse and indirectly	26

related to insertive intercourse. If it is indirectly,	27
then it cannot be.	28
Q. Let's go to the discussion, p.714, the heading on the	29
right-hand column 'Discussion'.	30
A. May I interrupt, to specify here, because these gay men	31
were not only practising very high frequencies of anal	32
intercourse - and that was the problem; the problem is	33
not sexual orientation, as far as we are concerned, or	34
sexual practices, as in passive anal intercourse, the	35
problem is very high frequencies of anal intercourse. I	36
want to specify that. Secondly, these gay men at that	37
period of time were not only having a very high	38

frequency of anal intercourse. They are, all of them - 1
there are studies which show about 95% of them, were 2
using recreational drugs and the drugs themselves could 3
lead to that positive test. So, at that time it was 4
very hard to discriminate between what is what, but when 5
they did the statistics they found this study directly 6
correlated to passive intercourse. 7

Q. I suppose you'd say here that although people were 8
testing seropositive who had not been the recipients in 9
anal intercourse, there must be some other explanation 10
for why they are seropositive. 11

A. Yes. 12

Q. Let's go to the discussion, what the authors have to say 13
about what this means. I'm reading from the first 14
paragraph under 'Discussion'. 'This study of homosexual 15
men at high risk of AIDS shows that the presence of 16
HTLV3 antibodies is an important risk factor for three 17
AIDS related clinical conditions (lymphadenopathy, 18
lesser AIDS and fully fledged AIDS). The presence of 19
antibodies is also associated with a low helper T-cell 20
level, the salient immunological characteristic of AIDS. 21
We have also shown that the prevalence of HTLV3 22
antibodies correlates best with number of homosexual 23
partners and frequency of receptive anal intercourse, 24
lifestyle variables previously linked as important risk 25
factors in analytical, epidemiological studies of AIDS, 26

thus epidemiologically HTLV3 could be the putative AIDS	27
agent'. You agree that's what it says.	28
A. Yes.	29
Q. I suggest nowhere there does the author say this goes to	30
establish, or support the proposition, that the only way	31
you can become seropositive through sexual intercourse	32
is by being the recipient in anal sexual intercourse.	33
A. That says there.	34
Q. This paper stands for no more, on this topic, than you	35
are in a higher risk group if you are the recipient in	36
anal sexual intercourse.	37
A. No, gay men are considered to be one of the AIDS three	38

groups, and if you have a positive test you have a risk 1
of developing AIDS. We agree with that. 2

WITNESS LEAVES COURT 11.26 A.M. 3

HIS HONOUR: Mr Borick, this witness is not going to 4
finish today. 5

MR BORICK: I'm acutely aware of that. 6

HIS HONOUR: And Dr Turner is not going to start 7
today. 8

MR BORICK: Equally aware of that. 9

HIS HONOUR: We are starting again on the 30th, and I 10
might have been an optimist when I thought we would 11
complete it in six days, or five days. I presume I am 12
an optimist. 13

MS McDONALD: I think your Honour is. 14

MR BORICK: I don't think my cross-examination of the 15
witnesses is going to be as long, for a number of 16
reasons. I'm getting a pretty good advanced knowledge 17
of what's going to be said, and I've got, obviously, a 18
bit of time to be prepared. I was wondering if my 19
friend could finish this cross-examination and have 20
Dr Turner on the first of those days. 21

HIS HONOUR: I don't know that that is going to 22
happen. I think I had better set more time aside. 6th, 23
7th and 8th we better pencil out as well. I have set a 24
matter for the 9th so I can't give you the 9th. Do you 25
think if I give it an extra three days there is a chance 26

that we will finish?	27
MS MCDONALD: I do. Can I raise a difficulty I run	28
into in terms of my availability? When those days were	29
set down your Honour might recall I had a difficulty	30
with the trial I had listed, and because of the resource	31
situation in the office I made an application to push	32
that trial back two weeks to allow this to occur.	33
Duggan J was not so generous and only agreed for it to	34
be pushed back - there was no opposition from defence -	35
to Wednesday, the 7th. Because it is a retrial I was	36
only going to have one day's preparation time anyway.	37
HIS HONOUR: There is an assumption in your request	38

that I have judicial clout with Duggan J. I can	1
certainly ask him, but that's all I can do.	2
MS MCDONALD: I will just have to have the matter	3
called back on.	4
HIS HONOUR: He is aware that I am hearing this	5
matter, as are a number of my colleagues. I have not	6
discussed it with him but I assume he would be aware	7
that it is a matter that needs to be resolved urgently.	8
I think you will have to make your application to him,	9
but I will have a word to him. If I give you a further	10
three days, realistically, can we finish it in that	11
time?	12
MS MCDONALD: I would have thought so. Dr Turner won't	13
be anywhere near as long as this witness. I don't	14
propose to deal with her sections, just simply his	15
presentation. Ms Eleopulos, I will probably be the	16
better part of the day with her, so maybe a day and a	17
half to two days for defence witnesses.	18
HIS HONOUR: I will indicate that I will set aside the	19
6th, 7th and 8th as well.	20
MS MCDONALD: Can I also indicate, with the prosecution	21
witnesses, rather than have each of them reinvent the	22
wheel, what we have done with Professor McDonald is	23
isolate the areas for which they have particular	24
expertise and then focus on those areas, so that should	25
truncate things to some extent.	26

HIS HONOUR: Are there reports available, or they 27
going to be available? 28

MS MCDONALD: They are going to be available, but the 29
difficulty we have there is they have been waiting on 30
materials that only arrived - 31

HIS HONOUR: So they will be available. 32

MS MCDONALD: Yes, we will have some further reports to 33
address the issues directly. 34

HIS HONOUR: What about the reports that were already 35
presented, are you going to be relying on those as well? 36

MS MCDONALD: In a general sense, yes, but what we are 37
hoping for is something more specific now. 38

HIS HONOUR:	It would be of assistance if you can	1
	identify before we resume the reports upon which you	2
	intend to rely and, in particular, if it can be done,	3
	those parts of those reports, if there are only parts of	4
	them that you intend to rely upon - that's the early	5
	reports. If you say to me 'We rely on all the reports',	6
	that's fine, I understand that, and Mr Borick will	7
	understand that and tailor his cross-examination	8
	accordingly.	9
MS MCDONALD:	It is the case we rely on everything in	10
	court but some of it is becoming relevant in the context	11
	of the issues that are being agitated.	12
HIS HONOUR:	You will try and refine those down then?	13
MS MCDONALD:	Yes.	14
HIS HONOUR:	I assume in that time you should allow at	15
	least a day for addresses, half a day each, and I would	16
	be assisted if I could have some written material. I	17
	understand the pressures everybody is under, but it may	18
	be that they can be provided afterwards or whatever.	19
MR BORICK:	Just another matter, I don't want you to	20
	comment on it at the moment, but I raised the	21
	proposition this morning with the three experts that are	22
	in court that they could meet together, solely on their	23
	own, to see if they could assist all of us to bring some	24
	of these issues to a head. I can tell your Honour the	25
	case has got strong international prominence amongst	26

scientists so there is a lot of pressure on everybody, 27
but I see no legal impediment to the three experts 28
having such a meeting, if they felt it useful. My 29
question is, would your Honour have any problem if that 30
did happen? 31

HIS HONOUR: Experts are experts and if they choose to 32
meet to exchange their views, that's entirely a matter 33
for them. 34

MR BORICK: Thank you, that answers my question. 35

HIS HONOUR: I wouldn't have seen any impediment to 36
that from the court's point of view. Ultimately, that's 37
a matter for the experts to decide whether a meeting 38

together will achieve anything and what it might 1
achieve, but that's entirely a matter for them, I'm not 2
in a position to tell them or direct them. Subject to 3
advice which they may get from those from whom they take 4
advice - and they may be taking independent advice, I 5
don't know - subject to that, there is no impediment on 6
experts getting together and discussing their views, 7
even during the course of their evidence. 8

ADJOURNED 11.35 A.M. 9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

26

27
28
29
30
31
32
33
34
35
36
37
38

.LJT...00604

412

E. PAPADOPULOS-ELEOPULOS XXN

RESUMING 11.48 A.M.	1
MR BORICK: With regard to the housekeeping matters	2
we referred to, personally, from my point of view, those	3
dates I will just have to fit in. I make no point about	4
that. Getting the witnesses back from Perth is my	5
fundamental problem. Dr Turner - I'm not going to deal	6
with his particular problems relating to his family	7
matters, if you like. He doesn't have an accent and if	8
we could organise for him to give evidence from Perth,	9
would there be any difficulty with that?	10
HIS HONOUR: There would be as far as I am concerned	11
because this material, there is a lot of it, and as you	12
know, there are difficulties even identifying the	13
correct document which someone is looking at, what they	14
are looking at, how they are referring to it. Frankly,	15
I find this evidence challenging because it is evidence	16
which is very technical and it requires a lot of	17
concentration.	18
MR BORICK: I appreciate that.	19
HIS HONOUR: I just would have real difficulty,	20
Mr Borick, personally, trying to take this evidence over	21
a video.	22
MR BORICK: We are not going to finish today. Would	23
your Honour adjourn for another 10 minutes? I just want	24
to discuss things a bit more.	25
HIS HONOUR: Yes. I will adjourn for a little longer	26

if you need longer. I will adjourn now. We can pick 27
the hour up after by sitting a little longer in the New 28
Year. I assume things might go a bit quicker in the New 29
Year when people have had a bit more time to absorb some 30
of this material because it is becoming fairly dated as 31
we go, so I am in your hands. 32

MR BORICK: Would your Honour adjourn for 15 minutes 33
at the moment and I can talk to your associate and get 34
you back when I think we might be able to give you some 35
more help. 36

HIS HONOUR: I will adjourn for 15 minutes while you 37
talk to your witnesses but can I indicate that I would 38

really prefer to have them in court. 1

MR BORICK: Yes. 2

HIS HONOUR: Ms McDonald, I have spoken to his Honour. 3

His Honour is making some inquiries but he said that 4

subject to making those inquiries, and subject to the 5

defence not opposing it, he would be sympathetic to 6

starting the following Monday. 7

MS MCDONALD: Thank you. 8

HIS HONOUR: But he has just got to work out what the 9

court position is. 10

MS MCDONALD: Yes, I understand that. 11

HIS HONOUR: So it is subject to all of those things. 12

My associate will speak to his associate in the next 10 13

or 15 minutes before we resume. You might, in the 14

interim, speak to the defence. 15

MS MCDONALD: I have. In fact, on the last occasion 16

they didn't oppose two weeks. 17

HIS HONOUR: They wouldn't oppose it starting on the 18

Monday. 19

MS MCDONALD: No, that was the position on the last 20

occasion. 21

HIS HONOUR: You better have someone in your office 22

confirm that. Duggan J may not need you to come before 23

him if you can get that agreement. 24

MS MCDONALD: That is very helpful. Thank you. 25

Mr Borick, I will come back at quarter past 12. 26

MR BORICK:	Thank you.	27
ADJOURNED	11.53 A.M.	28
RESUMING	12.16 P.M.	29
HIS HONOUR:	Ms McDonald, Monday the 12th.	30
MS MCDONALD:	Thank you.	31
HIS HONOUR:	I take it you will inform the defence,	32
	because I gather Ms Chapman, who is for the accused, is	33
	not available at the moment.	34
MS MCDONALD:	No, but we have a mobile number.	35
HIS HONOUR:	Will you inform them? There is no need	36
	to go before Duggan J. So unless either of you need to	37
	go before him, you need not.	38

MR BORICK: The position with regard to Dr Turner, it 1
has got some problems which I have got to deal with and 2
they are not going to be dealt with within the next half 3
an hour or so. They are personal problems particularly 4
with regard to Dr Turner. However, what I propose, and 5
I just mentioned this very briefly to Ms McDonald, is 6
that she and I will get together early in the New Year 7
and by then I will be able to tell her how long I will 8
be with witnesses. I am certain I am going to be a lot 9
shorter than what she has had to be. There are obvious 10
reasons for that. The best I can say at the moment is 11
that we adjourn now. I will confer with Ms McDonald as 12
to the way we organise the next sitting time, how we do 13
it, and let you know as soon as we get back from leave. 14

HIS HONOUR: I understand all of that but as I 15
understood it, is there going to be any difficulty in 16
resuming on the resume date, which I think is the 30th, 17
and with witnesses being here? 18

MR BORICK: That will be the resuming date whatever 19
happens but I foreshadow that you may have a very 20
serious request from Dr Turner to be permitted to give 21
the best of his evidence from Perth. 22

HIS HONOUR: I see Dr Turner is in court now. I don't 23
want to delve into his personal affairs in open court 24
obviously but I would indicate that I would much prefer 25
if Dr Turner were here. 26

MR BORICK: Dr Turner has heard that and Dr Turner 27
has a very strong preference not to be here. That 28
preference is dictated by personal matters. 29

HIS HONOUR: Yes, I understand that, and I don't want 30
to delve into that in open court but I think if there is 31
going to be an application for Dr Turner to give his 32
evidence other than in court I ought to deal with that, 33
and I am quite happy to deal with it in private if there 34
is any embarrassment about it, but I would need to deal 35
with it and I would need to be satisfied that there are 36
compelling reasons why he can't be here. 37

MR BORICK: I would like to spend some time with 38

Ms McDonald so that we can sort through some of the 1
difficulties and I would really like to take up that 2
offer to meet you in private about that - in chambers I 3
mean. 4

HIS HONOUR: Yes, but Ms McDonald or someone from the 5
Crown would have to be here, or in chambers but in open 6
court but I would close the court because it is a 7
chamber hearing. 8

MR BORICK: I will explain to the witness what you 9
meant by 'privately'. When will you be back? 10

HIS HONOUR: I will certainly be back on 22 January 11
but I will be available from about 3 January onwards. I 12
anticipate for some of that time I will be coming in and 13
out of chambers anyway. You can contact my associate. 14
She will be back on 2 January, so you can contact her 15
and she can make arrangements with me. 16

MR BORICK: Thank you. 17

HIS HONOUR: Ms McDonald, is that a satisfactory 18
process? 19

MS MCDONALD: Yes. 20

HIS HONOUR: Ms Papadopoulos-Eleopoulos will be back on 21
the 30th to continue her evidence and we will continue 22
with Dr Turner's cross-examination after she is 23
completed and then any Crown witnesses, and any 24
additional material, Ms McDonald, I would appreciate it 25
if I can be provided with that earlier rather than 26

later. When I say earlier, I don't mean that I want you 27
to necessarily work over the Christmas break but to give 28
me some time to absorb it. 29

MS MCDONALD: Yes, I appreciate that. 30

HIS HONOUR: And perhaps you could speak to my 31
associate or send a fax through just setting out the 32
reports that you want me to rely upon so that I might 33
try and get some time to read them before we resume. 34

MS MCDONALD: Yes. 35

MR BORICK: Thank you very much. 36

ADJOURNED 12.24 P.M. TO TUESDAY, 30 JANUARY 2006 AT 10 A.M. 37
38

